

BEFORE THE ENVIRONMENTAL QUALITY COUNCIL
OF THE STATE OF WYOMING

DEPOSITION OF: RANAJIT SAHU, Ph.D., QEP, CEM
EXAMINATION DATE: August 15, 2008

IN THE MATTER OF:)
) Docket No. 07-2801
BASIN ELECTRIC POWER) Presiding Officer,
COOPERATIVE, DRY FORK STATION,) F. David Searle
AIR PERMIT CT-4631)

PURSUANT TO NOTICE, the deposition of RANAJIT SAHU, Ph.D., QEP, CEM, was taken at 8:04 a.m., on August 15, 2008, at 555 Seventeenth Street, Suite 3200, Denver, Colorado 80202, before Patricia S. Newton, Registered Professional Reporter and Notary Public in and for the State of Colorado, said deposition being taken pursuant to the Wyoming Rules of Civil Procedure.

Patricia S. Newton
Registered Professional Reporter

1 APPEARANCES
 2 For Basin Electric Power Cooperative:
 3 MARK R. RUPPERT, ESQ.
 4 Holland & Hart LLP
 5 2515 Warren Avenue, Suite 450
 6 Post Office Box 1347
 7 Cheyenne, Wyoming 82003-1347
 8 (307) 778-4200

9 PATRICK R. DAY, ESQ.
 10 DENISE W. KENNEDY, ESQ.
 11 Holland & Hart LLP
 12 555 Seventeenth Street, Suite 3200
 13 Denver, Colorado 80202
 14 (303) 295-8528

15 For the Protestants:

16 ROBIN COOLEY, ESQ.
 17 Earthjustice
 18 1400 Glenarm Place, Suite 300
 19 Denver, Colorado 80202
 20 (303) 623-9466

21 REED ZARS, ESQ.
 22 Law Office of Reed Zars
 23 910 Kearney Street
 24 Laramie, Wyoming 82070
 25 (307) 745-7979

For the Environmental Protection Agency:

19 LUKE ESCH, ESQ.
 20 State of Wyoming
 21 Office of the Attorney General
 22 Water and Natural Resources
 23 123 State Capitol
 24 Cheyenne, Wyoming 82002
 25 (307) 777-3442

Also Present: Kenneth J. Snell

1 PROCEEDINGS
 2 NOT YET PROOFREAD *** NOT YET PROOFREAD

3 The material contained in this file has not been
 4 proofread. Any reference to page and line number
 5 may not be accurate. Please do not quote from
 6 this draft as this is not certified by the
 7 reporter. It is for review only.

8 RANAJIT SAHU, Ph.D., QEP, CEM
 9 The deponent herein, being first duly
 10 sworn to testify to the truth in the above cause,
 11 was examined and testified on his oath as
 12 follows:

13 MR. DAY: Robin, I've handed
 14 Dr. Sahu a copy of his expert report and his
 15 expert rebuttal report. I thought, unless you
 16 had an objection, that rather than make them
 17 deposition exhibits and require us all to pay for
 18 multiple more copies of these large documents, I
 19 wouldn't make them exhibits. But if you want
 20 them as exhibits, you can. But on the assumption
 21 you might not, I asked Dr. Sahu to confirm that
 22 I've given him two complete and accurate copies.

23 EXAMINATION

24 BY MR. DAY:

25 Q Can you do that, Dr. Sahu?

A Well, I have, in the last five
 minutes or so, just to be fair, glanced at it,

1 INDEX

2 EXAMINATION BY:		PAGE
3 Mr. Day	4	
4 Mr. Esch	303	
5 Ms. Cooley	320	
6 INDEX OF EXHIBITS		
7 DEPOSITION	PAGE FIRST	
8 EXHIBIT NO.	DESCRIPTION	APPEARS

1 7/1/08 Rebuttal Expert Report of 5
 2 Ranajit Sahu, with numerous
 3 attachments

4 5/1/08 Expert Report of Ranajit 32
 5 Sahu on Behalf of Protestants,
 6 with numerous attachments

7 3 Page 6-53 of the Wyoming rules 98
 8 re BACT

9 4 6/16/03 Expert Report and 169
 10 Analysis - Basin Electric Power
 11 Cooperative's Dry Fork Station
 12 Power Plant

13 (Original exhibits are attached.)

1 and I haven't done a page-by-page comparison.
 2 It looks -- the expert report looks
 3 like it has all the citations. I just thought
 4 there were some Internet footnote citations that,
 5 you know, were provided that I didn't -- maybe I
 6 missed them. I didn't see them printed and
 7 copied. So as long as one can click on them and
 8 get to the Internet, I suppose they are complete.

9 Q Well, let's go ahead and make
 10 these two that I've marked formal deposition
 11 exhibits. That way, if there's ever a question
 12 about whether or not we haven't fully copied
 13 something, we'll be able to answer it.

14 A Yeah, I just -- again, based on a
 15 very quick look that you've given me.

16 Q Well, we won't take any chances.
 17 Dr. Sahu, I'm going to hand you what
 18 we've marked as Deposition Exhibit 1. Can you
 19 identify it for us, please.

20 A It appears to be a copy of my
 21 rebuttal expert report in this case.

22 Q Okay. I want to ask you some
 23 questions first about your analysis on
 24 subcritical versus supercritical.

25 Can you tell me first, where did you

1 has to probably withstand higher pressures.
 2 There could be other reasons. I'm not sure of
 3 all the details of why a feed-pump design might
 4 change, but it's plausible it would have to
 5 because it simply would have to withstand higher
 6 pressures.

7 Q And you're going to have to make
 8 changes in the turbine, as well, aren't you?

9 A Well, the turbine -- portions of
 10 the turbine. The high-pressure section, for
 11 example, as we were discussing yesterday, would
 12 have to be different. Would have to be
 13 different.

14 Q Okay. And you'd probably also
 15 have to make some changes in the intermediate-
 16 pressure section of the turbine?

17 A Yeah. It depends on how many
 18 reheats you have and what -- you know, what
 19 reheat temperatures and pressures you're getting
 20 and where you introduce that into the turbine.
 21 That starts to get into the configuration of the
 22 turbine.

23 Q You're going to have to do a
 24 completely -- you're going to at least have to do
 25 an analysis of all the changes in the rotor

1 dynamics and a change in the turbine-lining size,
 2 as well, aren't you?

3 A Well, that's a turbine-design
 4 issue. I mean, a turbine manufacturer will
 5 balance the turbine and do the rotor design and
 6 make sure that the clearances are proper and --
 7 under actual conditions and all the elongations
 8 are fine and the clearances are okay. I mean,
 9 that's part of -- when you go to a GE or somebody
 10 like that, they do that. Yes, that's part of the
 11 design.

12 Q Yeah. And that's why, when you
 13 go from subcritical to the supercritical, you've
 14 got to at least consider the design changes in
 15 the turbines, as well?

16 A Right. I mean, the high-
 17 pressure turbine portion certainly would have to
 18 be a different design.

19 Q Okay. And for a unit the size of
 20 Dry Fork, would you agree that the change is many
 21 millions of dollars to go from subcritical to
 22 supercritical?

23 A I would -- wouldn't doubt that.
 24 I think it would be a significant expense. And,
 25 therefore, the way I see it, that's why we're

1 -- that's what I meant by it's such an important
 2 decision, it should have been made right prior to
 3 incurring the many millions of dollars going down
 4 the wrong path.

5 Q Do you think Basin made the
 6 decision properly?

7 A Well, we just spent a long time
 8 on that. I think the decision as it was made, in
 9 my view, was not supported properly.

10 Q In your rebuttal report -- oh,
 11 no, I'm sorry, it's in your main report -- page 6
 12 again, right where we were before --

13 A Okay. Sure.

14 Q -- right at the end of paragraph
 15 12 -- it starts on the very end of page 5 and
 16 carries over to page 6 -- I want to refer you to
 17 where you start with, quote, Of course, in order
 18 to generate and accommodate these higher tempera-
 19 tures and pressures --

20 Do you see that --

21 A Yes, I do see that.

22 Q -- you say -- then I'm quoting
 23 from your report -- quote, boilers and turbines
 24 have to be designed with different materials and
 25 the like, period, closed quote.

1 Do you see that?

2 A I do.

3 Q Okay. Are all the changes that
 4 we've discussed what you meant by "and the like"?

5 A That's correct.

6 Q Are there others that you had in
 7 mind with this statement that we haven't covered?

8 A Well, I'm not -- sitting here
 9 right now, this was not meant to be an
 10 enumeration of all the changes; but this was to
 11 recognize the very fact that we were talking
 12 about: that boilers and turbines will be
 13 different between sub- and supercritical.

14 Q Your next sentence in your report
 15 says, "But to call this," quote, a fundamental
 16 redesign, period and closed quote, is flawed.

17 A Right.

18 Q Tell me what the basis for that
 19 opinion is.

20 A Well, I'm using this word
 21 "redesign" now as a term of art. When you say
 22 something is a redesign and therefore one cannot
 23 consider that within the context of a BACT or PSD
 24 analysis, it's a totally different technology, I
 25 believe that to be flawed.

1 I think subcritical and supercritical
2 boilers, while they have differences -- and
3 certainly subcritical and supercritical plants,
4 while they have differences -- they're all part
5 of -- they're all pulverized-coal combustion
6 plants, they all make the end steam of a
7 different quality that ultimately becomes
8 electricity.

9 So I don't view supercritical and
10 subcritical as so different fundamentally that
11 they constitute a redesign. In fact, in support
12 of that, I gave you that other statement, at
13 least one other example fairly proximate in time,
14 actually, with regards to another utility or
15 another agency that felt like they were really
16 the same system.

17 So in that sense, they're not redesign;
18 they're doing the same thing. They're making the
19 same product: They're making steam of a
20 different quality, which is just becoming
21 electricity starting from coal.

22 Q What does the product, when you
23 use that term that you say "subcritical" and
24 "supercritical," generate --

25 A Well, the --

1 Q -- make?

2 A -- plants make electricity;
3 that's their purpose.

4 I understand Basin is going to generate
5 electricity; it's not doing it for district
6 heating or doing something else.

7 Q So you're saying the product
8 produced by both supercritical and subcritical is
9 electricity?

10 A Right.

11 Q Okay. Well, the product produced
12 by a nuclear power plant is also electricity, is
13 it not?

14 A Correct.

15 Q When you use the term "redesign,"
16 are you using that term within the context of
17 BACT for purposes of determining whether or not
18 this is redefining the source?

19 A Right, I was thinking of that.

20 Q Okay. I believe you're aware of
21 the fact that EPA, at least, does not require a
22 permit applicant to redesign the source as part
23 of a BACT analysis, correct?

24 A I would -- I would -- I'm not
25 trying to be facetious, but for me to sit here

1 and tell you what EPA thinks or doesn't think at
2 any point in time would be far beyond my feeble
3 powers.

4 I think EPA has not precluded the
5 states from doing what they think they need to do
6 to make decisions on this.

7 Q Okay, I understand that. But I
8 read your testimony in the Sevier case, and you
9 acknowledged in your sworn testimony in that
10 case --

11 A Right.

12 Q -- that EPA's policy is not to
13 require redefinition of the source.

14 A I think EPA has said that states
15 do not have to consider redesigns, correct.

16 Q Okay. Some states do and some
17 states do not, correct?

18 A Well, but EPA didn't define,
19 again, in that statement what they meant by
20 "redesign." That's part of the confusion, of
21 course.

22 But what I'm saying here is, I don't
23 think the difference between sub- and
24 supercritical is a redesign issue from that
25 context.

1 Q Okay. And what I want to make
2 sure I understand now is the source of your
3 opinion in that regard. When you say you don't
4 consider supercritical to be a redesign of the
5 source from subcritical, upon what basis are you
6 making that judgment?

7 A I'll give you an example. There
8 are other people who are similar, they make the
9 same product, they start from the same raw
10 material: coal.

11 You brought up electricity from
12 nuclear, and I'm saying, well, we don't have to
13 go quite that far. This is pulverized coal, as I
14 think Mr. Snell indicated correctly, I believe.
15 That entire coal-handling, coal-delivery system,
16 all of that stays the same and all the back end
17 stays the same.

18 Yes, the boiler has to be designed to
19 different suitable metallurgy to take into
20 account the steam characteristics. Yes, that is
21 defined -- that is mechanical-design differences,
22 but that doesn't make the supercritical
23 technology a redesign from the BACT context.

24 Q Okay. And I understand that's
25 your opinion, sir, and I don't -- I'm not trying

1 to be different: the metallurgy is different,
2 temperature and pressures are different, but
3 they're not a fundamental redesign; they're
4 equivalent. I think they use the word
5 "equivalent."

6 Q But you're understanding that to
7 be in the context of whether or not they're
8 fundamentally equivalent for purposes of whether
9 or not they're the same technology for BACT
10 purposes?

11 A Right. And just let me -- to be
12 very clear, this is an example: I think the CH2M
13 Hill report in the Dry Fork case is pretty
14 persuasive on that issue, frankly.

15 Q Now, it's true, isn't it, that
16 this letter and exchange of letters in Utah
17 demonstrates that switching from supercritical to
18 subcritical does not necessarily change any of
19 the permitted emission rates?

20 A That probably was the context. I
21 think at some point they made a technology
22 decision that was a switch, and they didn't want
23 to go through the permitted emission rates -- I
24 mean, they didn't want to go through the
25 repermitting, I believe.

1 Q Fewer mass emissions but not
2 necessarily a difference in rates; would that be
3 fair?

4 A Well, that's where we get into
5 how the rate's expressed. If you want to capture
6 that efficiency factor, you'd express the rates
7 as per megawatt hour, and you would get lower
8 rates expressed on a per-output, per-megawatt-
9 hour basis.

10 Q Okay. Would you agree with the
11 general proposition that for air-quality
12 purposes, supercritical doesn't get you anything
13 unless it does in fact achieve an improved
14 efficiency?

15 A Well, that's a -- I believe that
16 it will achieve an improved efficiency and,
17 therefore, you will get an air-quality benefit.

18 Q I understand that you believe
19 supercritical will generate more efficiency, but
20 I'm trying to make sure I understand a separate
21 point, which is that unless that in fact turns
22 out to be the case, there's no air-quality
23 benefit to going supercritical, agreed?

24 A Right. You need an efficiency
25 improvement for the air-quality benefit.

1 Q Right. But I just want to make
2 sure that you -- that I understand what you
3 -- what your opinions would be with respect to
4 emission rates. I mean, if we -- for example, at
5 Dry Fork, if we were to switch from subcritical
6 to supercritical, it wouldn't necessarily change
7 any of the permitted emission rates for the
8 controlled pollutants, correct?

9 A Well, it could, depending on the
10 form of the emission rates. If you express them
11 as pounds per megawatt hour --

12 Q But --

13 A -- it could, yes.

14 Q Okay. But generally speaking,
15 you'd be looking at substantially the same
16 control technologies, wouldn't you?

17 A You'd be looking at the same
18 control technologies. Actually, the same control
19 technologies.

20 Q And so for purposes of emissions
21 issues, the benefits of subcritical are
22 ultimately that you just burn less coal?

23 A Yes, you burn less coal; you
24 therefore have fewer mass emissions for the same
25 output of electricity.

1 MR. DAY: Okay. Why don't we go
2 ahead and take a break.

3 THE DEPONENT: Sure.
4 (Recess from 9:29 to 9:48 a.m.)

5 Q (BY MR. DAY) All right.
6 Dr. Sahu, I had just a handful of questions on
7 redesign, then we can move on to a different
8 subject.

9 In the process that you employed to
10 determine whether or not something is a
11 fundamental redesign or not, how do you answer
12 that question with respect to IGCC technology?
13 Is that a fundamental redesign, in your opinion?

14 A I think it falls more, in my
15 mind, on -- it's definitely not pulverized-coal
16 combustion, but I think if you look at a
17 gasifier, there's certainly combustion going on
18 in there to a certain degree. It's hard to avoid
19 combustion at high temperatures when you have any
20 oxygen.

21 I would think of it as falling -- as
22 a production process that still uses coal to
23 produce electricity, and maybe innovative, if you
24 want to go that far, but I look at it on that
25 basis as being another production process -- a

1 similar production process, if you will, when you
2 look at the broad definition of: Are you
3 starting with coal and ending up with
4 electricity?

5 Q So, yes, you consider --
6 you do not believe that IGCC would be a
7 fundamental redesign of the source?

8 A Yeah, but I have, to tell you the
9 truth, not spent as much time looking at all the
10 details and forming an opinion on that particular
11 question. I'll be fair with you and say that I
12 have certainly not recently looked at it and will
13 try to answer that question for myself.

14 Q Okay. Well, I just want the
15 record to be clear on your official position.

16 Is it your official, for purposes of
17 where we are today, that you are expressing no
18 opinion on whether or not IGCC is a redesign -- a
19 fundamental redesign of the source?

20 A Right. Sitting here as I do
21 right now, I haven't talked through that. I'm
22 not expressing an opinion.

23 Q Okay. Do you have an opinion one
24 way or another on whether or not circulized --
25 CFB -- I'll just do it that way -- would be a

1 redesign of the source: circulized fluid bed?

2 A Circulating fluidized bed: CFB?

3 Q Yes.

4 A It uses a different type of
5 combustion, but to me, it's a lot closer to, you
6 know, not being a fundamental redesign, because
7 it's burning coal, combusting coal; it's doing it
8 in a different manner within the boiler -- the
9 CFB boiler as opposed to a straight PC boiler.

10 Q So that would not be a
11 redefinition of the source?

12 A Yeah, I wouldn't think of that as
13 being a redefinition of the source.

14 Q Okay. What about a stoker
15 boiler?

16 A Let me ask a clarifying question
17 here.

18 Q Yes.

19 A Are you starting -- when it comes
20 to a comparison when you're doing redesigning,
21 redesigning compared to what? Are you comparing
22 it to a pulverized coal?

23 Q To Dry Fork.

24 A To Dry Fork. I don't think --
25 Again, I have not formed an opinion on

1 this, because there are so few stoker boilers, I
2 don't normally think of stoker boilers, certainly
3 in this day and age.

4 But I don't -- I haven't thought
5 through that completely.

6 Q No opinion, then --

7 A No.

8 Q -- one way or the other?

9 A No opinion, sitting here right
10 now.

11 Q In order to form an opinion on
12 something like IGCC, you would have to just sit
13 down and make your own personal assessment of the
14 specific technologies and then do sort of a line-
15 item comparison between them and Dry Fork to make
16 a final evaluation of this question?

17 A Much the same type of process
18 that I tried to answer. I mean, sort of look at
19 the facts, look at the similarities, look at the
20 dissimilarities -- there will always similarities
21 and dissimilarities -- and then to see where I
22 can personally support drawing the lines so at
23 least I have a logical construct. That's how I
24 perceive it.

25 Q Okay. Tell me about your

1 background and training in BACT analysis.

2 A Well, the background is -- I've
3 been an air-pollution consultant now for roughly
4 18 years; and through that time, I think I've
5 done my share of doing some BACT analysis, doing
6 some LAER analysis, which is kind of related,
7 doing a lot of reviews of BACT analysis, becoming
8 familiar with the regulations that pertain to
9 BACT analysis at EPA, various states that I
10 happen to have worked in on projects, guidance
11 documents.

12 And putting that all together, I'm more
13 recently doing some expert work in that area, as
14 well, probably in the last five to eight years.
15 That's sort of an overall sense of my work in the
16 BACT area.

17 Q Let's start with just the piece
18 related to your expert work in this area: in the
19 last five to eight years, I think you said.

20 A Yes, roughly since 2000.

21 Q What has that work been?

22 A That work has been looking at
23 BACT analyses and permits, BACT assessments for
24 sources that may or may not have triggered PSD,
25 working for several cases laid out in my resume.